PROCEEDINGS OF THE

ATLANTIC STATES MARINE FISHERIES COMMISSION

HORSESHOE CRAB MANAGEMENT BOARD

Crowne Plaza Hotel - Old Town

Alexandria, Virginia February 20, 2013

TABLE OF CONTENTS

Call to Order, Chairman David Simpson	1
Approval of Agenda	1
Approval of Proceedings, October 2012	1
Discuss Importation of Asian Horseshoe Crabs	1
Technical Committee Report	3
Technical Committee Report	5
Discussion of New Jersey Moratorium on Horseshoe Crabs	8
Other Business	11
Ouler dusiliess	11
Adjournment	12

INDEX OF MOTIONS

- 1. **Approval of Agenda** by Consent (Page 1).
- 2. **Approval of Proceedings of October, 2012** by Consent (Page 1).
- 3. Move to recommend to the Policy Board that the commission send a letter to the U.S. Fish and Wildlife Service urging expedited review and possible action on the importation of all Asian horseshoe crab species (Page 5). Motion by Stewart Michels; second by James Gilmore. Motion carried (Page 6).
- 4. Move that the staff prepare a resolution in keeping with the recommendations of the technical committee on this issue that encourages the states to take any and all appropriate actions to address the importation of Asian horseshoe crabs (Page 6). Motion by Jack Travelstead; second by Louis Daniel. Motion carried (Page 6).
- 5. Move that the state of New Jersey consider alternate management strategies to a harvest moratorium, including pending legislation, due to the negative impacts to regional horseshoe crab populations and concerns with potential ecological and human health issues with the importation of non-native species (Page 7). Motion by James Gilmore; second by Bill McElroy. Motion defeated (Page 10)
- 6. Motion to adjourn, by Consent (Page 11).

ATTENDANCE

Board Members

Dennis Abbott, NH, proxy for Sen. Watters (LA)

Doug Grout, NH (AA) G. Ritchie White, NH (GA)

Dan McKiernan, MA, proxy for P. Diodati (AA)

Bill Adler, MA (GA) Rep. Sarah Peake, MA (LA)

Mark Gibson, RI, proxy for R. Ballou (AA)

Bill McElroy, RI (GA)

Rick Bellavance, RI, proxy for Rep. Martin (LA)

David Simpson, CT (AA)
Dr. Lance Stewart, CT (GA)
James Gilmore, NY (AA)
Pat Augustine, NY (GA)

Peter Himchak, NJ, proxy for D. Chanda (AA) Adam Nowalsky, NJ, proxy for Asm. Albano (LA)

Tom Fote, NJ (GA)

Stewart Michels, DE, proxy for D.Saveikis (AA)

Roy Miller, DE (GA) Tom O'Connell, MD (AA) Bill Goldsborough, MD (GA)

Russell Dize, MD, proxy for Sen. Colburn (LA)

Jack Travelstead, VA (AA)

Rob O'Reilly, VA, administrative proxy

Cathy Davenport, VA (GA)

Kyle Schick, VA, proxy for Sen. Stuart (LA)

Louis Daniel, NC (AA)

Mike Johnson, NC, proxy for Sen. Jenkins (LA)

Robert Boyles, Jr., SC (AA) Sen. Ronnie Cromer, SC (LA)

Pat Geer, GA, proxy for S. Woodward (AA)

James Estes, FL, proxy for J. McCawley (AA)

Jaime Geiger, USFWS Derek Orner, NMFS

(AA = Administrative Appointee; GA = Governor Appointee; LA = Legislative Appointee)

Ex-Officio Members

Mark Robson, Law Enforcement Representative

Staff

Robert Beal Toni Kerns Marin Hawk

Guests

Willis Spear, Yarmouth, ME Loren Lustig, PA Gov. Appointee

Raymond Kane, CHOIR Bob Ross, NMFS Kelly Denit, NMFS Kim McLean, NMFS Peter Burns, NMFS Russ Allen, NJ DFW Wilson Laney, USFWS Michele Walsh, NOAA

Charles Lynch, NOAA

Wendy Morrison, NOAA Ross Self, SC DNR

Janice Plante, Commercial Fisheries News

Benjie Swan, Lemuli Labs.

Christine Lecker, Wako Chemicals USA

Mary D. Wolf, Lonza Barry Kratchman, PA Carl Schuster, Arlington, VA Bud Brown, Georgetown, ME Peter Hreben, Kleinschmidt Assoc. The Horseshoe Crab Management Board of the Atlantic States Marine Fisheries Commission convened in the Presidential Ballroom of the Crowne Plaza Hotel Old Town, Alexandria, Virginia, February 20, 2013, and was called to order at 11:00 o'clock a.m. by Chairman David Simpson.

CALL TO ORDER

CHAIRMAN DAVID SIMPSON: We will get started with the Horseshoe Crab Board. This is the call to order.

APPROVAL OF AGENDA

CHAIRMAN DAVID SIMPSON: Board consent on the agenda; are there any additions to the agenda? Doug Grout I think had one thing at the end that I am aware of. He wanted to address a monitoring issue in New Hampshire.

APPROVAL OF PROCEEDINGS

CHAIRMAN DAVID SIMPSON: We have approval of the proceedings from the October 2012 meeting. Were there any issues with that? Is there any objection to approving those? Seeing none; we will consider those approved. Public comment; is there any public comment on items that are not on the agenda? Seeing none; we will move to Agenda Item 4, which is review and discuss importation of Asian horseshoe crabs. We have a presentation by Glenn Gauvry.

DISCUSS IMPORTATION OF ASIAN HORSESHOE CRABS

MR. GLENN GAUVRY: I am assuming that most of you already received the notes from the technical committee conference call that happened two weeks ago, which would have included a letter from the IECN Committee, the notes from the meeting itself and some correspondence that has actually circulated from a few of the states that we're looked at as state-by-state level of what could be done to address this issue.

What I'm going to try to do today really is just to make – I'm really here for the most part as an advocate for this issue. For those of you who don't know me, my name is Glenn Gauvry, and I am the director of the Ecological Research and Development Group. Our mission is the conservation of the world's four horseshoe crab species.

I also sit on the IUCN Species Specialist Group for Horseshoe Crabs, and I am the coordinator of the

Trade Industry and Use Subgroup under the IUCN that has to do with the issue that we're talking about today. What I thought I would do is let you know what we know, and a lot of that is probably covered in the notes that you have from the last meeting with the technical committee.

What we know is that in 2011 a New Jersey importer – actually Blue Water Seafood brought in several thousand horseshoe crabs from Thailand assumedly for the conch fishery. In 2012 we know that a New York importer, East Coast Bayshore Seafood, brought in several thousand horseshoe crabs, two species, *Tachypleus gigas* and *Carcinoscorpius*, from Vietnam. The first one was from Thailand; the second was from Vietnam. Again, we're assuming that is for the conch fisheries based on the correspondence we have received both the supplier in Vietnam and some anecdotal information that we have received from various distributors up and down the coast.

Just recently in 2013 – actually just last week – several thousand horseshoe crabs were confiscated in South Sumatra, which is Indonesia. Then were enroute to an export location in North Sumatra. In Indonesia there are some regulations that govern the harvesting and distribution of horseshoe crabs.

Their tails had been cut off and they had been stuck in with a bunch of snails and they were trying to kind of get them through that way, but they were collected. There were about 3,000 some odd that were picked up. We're not quite sure yet where they were ultimately going to. They may not have been going to the United States.

They may have been going to some other market that exists in Asia. I have been contact with the current exporter that has shipped the last several thousand to the New York East Coast Bayshore Seafood Company. He has been actively or – aggressively would probably be a better word – aggressively seeking customers up and down the east coast for Asian horseshoe crabs, which he is saying is primarily coming from Vietnam.

We also know that this particular importer has actually been aggressively advertising in Asian publications looking for horseshoe crabs to bring in. Whether this person that I'm talking with now drops to the wayside, there are others that are rather anxious to take his place to meet a market demand. That is what we know.

Our concerns, for the most part, are outlined in that IUCN letter that you have, but since these animals are being brought in a somewhat frozen state – they're not totally frozen. As a matter of fact, when I have talked to the exporter of them, he only assured me that they would be cold when they arrived in the United States.

Since we don't know really what the condition is, but we're assuming that they're just partially frozen or just chilled, our concerns are, of course, the three main ones that were outlined in the IUCN letter, which was the introduction of parasites and pathogens and non-native invasive species into U.S. Waters. That would be one of our main concerns.

Our second concern is, of course, the introduction of disease and parasites that could harm our U.S. Species, horseshoe crab species and how that could feasibly carry over in terms of the Adaptive Resource Management Plan and how it affects shorebirds that depend on horseshoe crabs for survival.

There are a lot of parasites that we have identified on the U.S. Horseshoe Crab Species and there are a similar number of diseases and parasites that exist on the Asian species. We don't know the effects of the Asian parasites on the U.S. Species because there has not been any studies on that, but that is a concern.

The third concern that we have is the introduction of poisonous substances into the U.S. Human Food Supply. One of the species that is being brought over at least in the last batch that came over from Vietnam was *Carcinoscorpius*, which has been known to have TTX or tetrodotoxin. That causes several deaths every year in that part of the world where people will inadvertently consume the wrong species. They do eat them in Vietnam. The problem of bringing that species into the United States and then having that be the food supply for the conch, which ultimately ends up into the human supply, is a concern that should be looked into.

I guest fourth, which doesn't directly involve this body, is, of course, the – as I have said earlier, our mission is the conservation of the world's four horseshoe crab species, and the three Asian horseshoe crab species are under serious decline. One of the reasons why we were able to get the IUCN to take us on as a species workgroup having to do with horseshoe crabs is because right now all three species are considered data-deficient according to the IUCN.

What we're trying to do as a result of the formation of this workgroup is to start to address those issues so that we can engage the IUCN's clout in issues like this, but right now we don't have any clout so we're looking at what can be done on the U.S. side. Lastly, I guess the question is do we have the responsibility, both scientifically or morally, to put an end to this practice; and if yes; do we have the tools do it?

There I think is where when the technical committee was meeting on the conference call they were kicking around some ideas of things that might currently exist on a state-by-state level that could at least slow down this practice until we have the chance to review what the detrimental effects might be to the horseshoe crabs, to our horseshoe crabs to introducing species into our waters and into our food supply.

There was some talk about things that might be able to be done on a federal level, too, but we're just kind of scratching the surface on that. I guess the last thing that I just want to say is that just as the moratorium in New Jersey, the Horseshoe Crab Harvesting Moratorium in New Jersey had a ripple effect on the bait market in states north and south, we're now beginning to see this ripple effect extend all the way to Southeast Asia.

What it is basically doing is it is creating a market that didn't previously exist. They do harvest horseshoe crabs for a multitude of purposes there and human consumption is one of them. Even though it has been contributing to the decline in the Asian population because of lack of regulations, it has at least been reasonably balanced.

What has happened as a result of our hunger for more horseshoe crabs to introduce into our bait market is we have created a very lucrative market, and so now people are willing to actually break the laws in the case of Indonesia to get their hands on horseshoe crabs to send over to us to meet that demand.

The ERDG and the IUCN's big concern, of course, is trying to see if we can put a stop to this on the U.S. side and the positive effects it would have on the conservation of three Asian horseshoe crab species. That is pretty much all I have. I am perfectly happy to answer any questions that I might have the answers to.

CHAIRMAN SIMPSON: I think the technical committee has a related presentation, fairly brief, and I think what I will do is we will take, too, and then we can entertain the questions for both.

TECHNICAL COMMITTEE REPORT

MS. MARIN HAWK: Penny Howell is the chair of the technical committee, but she couldn't make it today so I will be giving the presentation. The technical committee met to discuss this issue about two weeks ago. We obviously focused on the importation of Asian horseshoe crabs into the U.S. The technical committee agreed that addressing this issue is necessary to reduce the risk of harm to our native population.

I'm just briefly going to go over the status of each state for you and whether or not they have imported horseshoe crabs and whether or not there is the potential motivation to import those horseshoe crabs. In Massachusetts there are no known bait shortages and also no known importation. In New York about 2,000 *Tachypleus gigas* were imported in 2011 to address bait shortages and also 7,400 kilograms were imported in 2012.

In Connecticut there are fluctuating landings and an apparent increase in demand but there are no imports yet. In Rhode Island it has been reported that the fishery is exhausted within two to three weeks. The demand for bait remains high, but nobody is aware of anyone importing Asian horseshoe crabs.

In Delaware, Maryland and Virginia the technical committee members decided that the ban on the harvest of females could potentially lead to the motivation to import Asian horseshoe crabs, but there is no known importation yet. Glenn just talked about a lot of these concerns with importation so I won't spend too much time on them, but I did just want to emphasize the point that the technical committee was concerned with the fact that the horseshoe crabs may not be completely frozen when they enter the United States, which could lead to the transfer of parasites, diseases or epibionts.

Some possible solutions, which Glenn also just discussed briefly, the Asian horseshoe crabs are under review for a likely IUCN Red Listing, but that could take up to a year. The U.S. Fish and Wildlife Service has also been reviewing their authority under the Lacey Act to add Asian horseshoe crabs to the List of Injurious Wildlife, and that would allow them to regulate the import of that species if it was listed as injurious wildlife. However, that may also take up to a year.

During the conference call we did discover that some states already have in place regulations to address the import or use of non-native species, and so the document that you have in the supplemental materials outlines the regulations in each state that they may or may not have. Basically, the technical committee recommends that the board initiate a state ban for the import or use of the Asian horseshoe crabs if possible in that state.

The technical committee also recommended that the Horseshoe Crab Board write a letter of support to the Committee on the Environment and Public Works, which is reviewing the Invasive Fish and Wildlife Prevention Act of 2012. That is a bill that is in the committee right now. It is attached to the technical committee report, which is in your supplemental materials. It basically will give the U.S. Fish and Wildlife Service authority to regulate invasive species before they become invasive instead of after. Thank you, Mr. Chairman.

CHAIRMAN SIMPSON: Are there any questions for either Glenn or Marin? Jack.

MR. JACK TRAVELSTEAD: I appreciate the technical committee looking into this so quickly and doing such a thorough job. The technical committee is recommending that the states ban imports if they are able to do so. Are we talking about an addendum to the management plan to affect that or is this simply a request of do something if you can?

MS. HAWK: In the FMP there is not much power to deal with Asian horseshoe crabs, so it would have to be a state-by-state basis. It would be in the state legislature and not an addendum to the FMP.

MR. TRAVELSTEAD: I'm not clear on the answer. We want states to do something about this if they're able to, but we're not forcing states to do something through an addendum.

CHAIRMAN SIMPSON: Bob might be able to help, but I suspect our plan reads horseshoe crabs, the species that we have here, and so this is almost outside the scope of the specie's plan. Bob, do you have more thoughts on that?

EXECUTIVE DIRECTOR ROBERT E. BEAL: I was going to make that comment; and also under the adaptive management section of the current Horseshoe Crab FMP I don't think we even contemplated this happening when the FMP was developed. I think we'd probably have to go through the full amendment process, which would take as long or longer than some of the processes that are being talked about through the other avenues that were up on the screen a minute ago.

CHAIRMAN SIMPSON: It seems almost like the Asian oyster kind of question; another one we don't manage. Certainly, there is reason to be very concerned about it and for states to take action. I guess related I had a couple of questions and we'll see where they go. One is are there materials out there for species identification so that our biologists, our law enforcement people, fishermen, dealers know how to recognize these species of concern separate from our limulus species?

MR. GAUVRY: Not to my knowledge. For the most part, a lot of the conchers are buying their bait from their distributors, so it would seem that the first course of action would be the distributors, and they're the ones that impart information to what it is that they're supplying their watermen. I don't know of the willingness of all of them to do that. I know that I have had conversations with Rick Robins and he is very willing and very concerned about this issue; but some of the distributors to the north that are actually importing these, I don't know if they will necessarily be willing partners.

CHAIRMAN SIMPSON: Right, and so that could be an impediment to management. I guess I was also thinking in terms of whether there is a protocol or best practices of freezing or something that would render these pathogens or parasites sterile or no longer a problem. Then I also have the question about the TTX and whether that would make any difference if they're frozen. Usually the toxins aren't so affected by cold or heat.

MR. GAUVRY: Right; and I was provided by the exporter a series of photographs of their operation. For the most part, it looked like they put them on a large table, they hose them down, and they put them in plastic bags.

The information was we then freeze them and then they were put into cardboard boxes and banded and then shipped out. To the extent that they were frozen, if in fact they were even frozen, we don't know. Short of getting some of these animals as they come into the United States and start testing them to see what is still alive on them, it is going to be difficult to determine the level of threat that we have got from them.

At the very least we should be looking at that and there is very little information out on the TTX. There are not a lot of scientific papers that have been done on it. We know that it exists; we know it is a problem, but in terms of how it is transferred into, say, conch and then how that would affect the human

food supply, there is nothing out there. Again, it is something else that we really ought to be looking into before we start to kind of give the green light to this sort of practice.

MR. JAMES GILMORE: Mr. Chairman, just an additional point because of the issues with the Southern New England Lobster Fishery, our conch fishery seems to be blossoming, which is I think even further increasing the demand. We have got some multispecies issues going on that is making this worse. In New York, the concern I had, too, was trying to have a – through the plan and trying to impose something on all the states may not be appropriate.

But just to tell you what New York was doing is that we're considering regulations through — I have regulatory authority under it so I don't have to go through the legislature and we're going to do that pretty soon, including possibly looking at the conch fishery, which seems to be going downhill pretty quickly because of the increased harvest.

That is for multiple reasons because we have this issue with the Asian crab coming in and it is documented coming into New York already. On top of that we have the other issues with the horseshoe crab management that has been problematic to our population. On top of that we have also had an escalating biotoxin issue through shellfish, which is growing and getting – and we're up to like 20,000 acres last year of shellfish harvest area I had to close down, so I really don't need another toxin coming in that I am going to have to deal with. We're already well on the road to trying to do some regulatory things this year to try to shut this down.

MR. WILLIAM A. ADLER: Mr. Chairman, I wanted to ask if there is some body that is looking into what you mentioned about do they have toxins; does freezing do something good, bad, whatever? Are we just speculating that this is what could be or is there somebody actually checking on this now because that information would be very useful if you have to put a ban or something in?

MR. GAUVRY: No; nobody is checking into it. We do know the toxins exist in *Carcinoscorpius*. That is documented. We do know that people die every year in Southeast Asia from eating *Carcinoscorpius*, which is pretty much a mangrove species, so it is kind of a species of opportunity. It is much easier to harvest them, so people think that they're going to get *Tachypleus* and they end up with *Carcinoscorpius* and they eat them and they die.

We do know the cause and effect of the toxin and we do know the species that toxin comes from. To answer your question directly do we know that it is a problem once it is frozen; no. Do we know that it transfers into another species and then could be transferred to a human and still cause the same effects; no, there has never been any test that I'm aware of that has been done because this hasn't been a problem in the past.

MR. ADLER: If I may, okay, so who or what would be the entity in our world here that would be able to test this stuff so we would have some proof of what has been thought could be a problem? Is there a state that could test this or is there a federal – somebody that could test this and get some actual answers to this stuff?

MR. GAUVRY: I don't know the answer to that. There has been some conversation about the FDA, but I don't know if they would take this on or not.

MS. HAWK: Under the Invasive Fish and Wildlife Prevention Act of 2012, it would give the U.S. Fish and Wildlife Service authority to do research into imported organisms.

MR. DAN McKIERNAN: To follow up on Jim Gilmore's comments, in Massachusetts we have a real challenge managing our whelk fishery and guys taking them with conch pots. That is the demand for a lot of the horseshoe crab baits, and so I think the Horseshoe Crab Management Board really ought to be going home and looking at how we manage the conch pot fishery.

I know in my state we've probably seen effort as defined by the number of pot hauls which equates to so many pieces of horseshoe crab has increased over the last few years, especially as the Southern New England lobster has declined, but there is no interstate management plan for the whelk fishery or there is no guidance of how we should be managing that at home.

Clearly, there was talk a decade ago about bait cups and envelopes and trying to minimize the portion of the crab that you're using. That all makes perfect sense, but I wouldn't be surprised if we're faced with a situation where we eventually need to create a section or a management board for whelks because there is interstate commerce. There are problems of the increasing demand, and it is causing problems now in Southeast Asia, so let's get on with it.

CHAIRMAN SIMPSON: I think one of the fundamental problems we have may be identifying the species; so if you don't catch it at the airport, that is probably your only opportunity to manage this issue. That would be my concern right now. Roy.

MR. ROY MILLER: My perception of the discussion thus far is that people are in general agreement that we need to do something about this importation issue with regard to these three species. Now, the question is what can we do collectively that would be most effective? I suspect that if it is strictly on an individual state basis, the outcome is uncertain and certainly the timeline would be uncertain.

Some states have regulatory authority; some states don't. Some have proclamation authority. It varies. One authority we all share is through the commission. My question is what can the commission do on a fairly rapid basis either through an emergency declaration or something of that nature. I was wondering if you had some guidance in that regard, Mr. Chairman, or perhaps Bob might have some guidance. Thank you.

CHAIRMAN SIMPSON: Well, my thought on it would be that this is an issue of importation and liberation, and that is beyond the scope of any authority I have that comes through ASMFC. That is well beyond the scope of our ability to set rules; and then the question would be who would enforce it? That is outside of DEP. This is literally an importation to the country issue, and I suspect it will have to be dealt with. I am going to let Jaime respond because I really see this as – there is actually a federal role once in a while in some of our problems. Jaime.

DR. JAIME GEIGER: Mr. Chairman, sorry I had to step out of the room, but did anybody mention the Lacey Act and declaring these as injurious wildlife? I do believe that there is some activity going on right now with our Division of Aquatic Invasive Species in our Washington Office Headquarters, looking at the information to see if there would be support or appropriate science background to propose that. I think certainly it would be helpful that if the commission feels strongly that this be continued and accelerated, certainly a letter from the commission to the U.S. Fish and Wildlife Service would be very beneficial to help us to proceed in that direction.

Again, I want to be sensitive because I do believe some of the horseshoe crabs and the value that they may or may not be serving, and there may be different opinions around this table about what they can and could and should be doing and whether or not unilaterally the Service should prohibit these I think is still premature. Again, I think this is something that the more information we have as we move forward I think it would be beneficial for obviously our collective interest in protecting these American populations of horseshoe crabs.

CHAIRMAN SIMPSON: Thanks, Jaime; it does sound like that might be our most effective avenue as a commission. Stew.

MR. STEWART MICHELS: Well, I was just going to ask, Dave, if we need a motion to move that forward.

CHAIRMAN SIMPSON: Yes, I think that would be helpful.

MR. MICHELS: Okay, I would like to move that the board send a letter to the U.S. Fish and Wildlife Service urging expedited review and possible action on this issue; something like that.

CHAIRMAN SIMPSON: Jim seconded that. Is there discussion on this motion? Pat.

MR. PATRICK AUGUSTINE: And possibly add something about best management practices, as you mentioned, Mr. Chairman, as part of that, that maybe the U.S. Fish and Wildlife Service either could, should or move toward developing the best management practices in handling that particular species as it comes in. If we're going to do it, do it as the whole package in our letter to them. We hope they can supply a means for controlling and evaluating as they come in; and who else can do it if it is their responsibility.

CHAIRMAN SIMPSON: I saw Jaime nodding as you were making that comment, so that seems to fit with his perception of the role. Jack, did you have another comment?

MR. TRAVELSTEAD: Yes; I certainly support the letter, but I'm wondering if a resolution from the commission on this issue that lays out our concerns and encourages all of the states to take whatever action they can currently might not be an additional approach we should consider. If I had something I could carry back home that was supported by the full commission, I think that would help me be able to do something and perhaps other states.

CHAIRMAN SIMPSON: Okay, should we take that up as – Toni, go ahead.

MS. TONI KERNS: I think you want to make this a recommendation to the Policy Board to send this letter. That is how we typically move forward with these.

CHAIRMAN SIMPSON: Okay; does everyone accept that? What I might suggest is that we dispense with this and then maybe entertain a motion to develop a resolution for the commission to do that very same thing because I think that would be helpful to every state to get something going at the individual state level. Is there any further discussion on this motion? Pat, can you look that and there was some additional thought that you had on there in terms of protocols or something. Is there language you would like to add?

MR. AUGUSTINE: Whatever Dr, Geiger would like in terms of words relative to best management practices and what might be more appropriate that we put in there. He might have a recommendation, Mr. Chairman, but it should deal with best management practices either developed by them or outlined by them.

CHAIRMAN SIMPSON: Jaime, any thoughts?

DR. GEIGER: However the board wants to craft that, I'm certainly acceptable to that, but I would also specifically reference Asian horseshoe crabs on this one, so we're clear on what you're asking the Fish and Wildlife Service to consider and look at.

CHAIRMAN SIMPSON: And there are three Asian horseshoe crab species. Do you think we need the species listed in this motion or we will just take it out of the meeting minutes?

MS. KERNS: I think we're okay if we say all Asian horseshoe crab species, and we have said on the record that there are three, so the intention is there.

CHAIRMAN SIMPSON: Okay, I will read the motion into the record: move to recommend to the Policy Board that the commission send a letter to the U.S. Fish and Wildlife Service urging expedited review and possible action on the importation of all Asian horseshoe crab species. That is a motion by Mr. Michels and seconded by Mr. Gilmore. Is there any further discussion on the motion? Dan.

MR. McKIERNAN: Yes; to follow up on Jack Travelstead's comments, it seems like we were going down the road where the board was going to ask each state to take what action it could while the U.S. Fish and Wildlife Service did something on a more comprehensive national scale; so is that not part of this intent?

CHAIRMAN SIMPSON: What I was going to do is dispense with this and then get a separate resolution type of motion. Is there any other discussion on this one? Is there any objection to this motion? **Seeing none; we will consider it adopted unanimously.** Jack, do you want to put up a motion on this concept of a resolution?

MR. TRAVELSTEAD: I guess it would go something like I move that the staff prepare a resolution in keeping with the recommendations of the technical committee on this issue that encourages the states to take any and all appropriate actions to address the importation of Asian horseshoe crabs. It would be my hope that could be done and voted on by the commission at this meeting.

CHAIRMAN SIMPSON: Okay, so we would forward that to the full commission for consideration at the end of this meeting. Louis, are you going to second the motion?

DR. LOUIS B. DANIEL: I am going to second and then comment. I support this, but I also wanted to speak Dan's comment. We, too, are seeing an increase in conch harvest in North Carolina. I think some of this may be precipitated by some of the very stringent measures that are being put on queen conch and the potential for them to be listed as an endangered species.

A lot of those conch are being sent down south to make up for that loss. I think we're going to see increasing demand. This is an interjurisdictional fishery that has very unique life history. They mature at like six or seven years old, so you can have a pretty significant impact on the population. I just wanted to speak in support not only of the previous letter and this resolution but also not letting Dan's comments about the potential for interjurisdictional fishery's management on these things to be considered.

CHAIRMAN SIMPSON: Are there any other comments relative to this motion? Mark.

MR. MARK GIBSON: I support the motion. This will be helpful back home. We have what is called Bio-security Board that I represent the Marine Fisheries Program on; so this will give us traction into that to explore what state authority we have. It may difficult for us to conduct interdiction,

inspection and enforcement actions at the state level, but at least we can make an attempt. I support that.

I am also thinking about what Dan suggested about the conch or whelk fisheries. There are multiple species involved, and in Rhode Island as well we have seen escalation in that fishery. We have stock assessment information that shows that biomass is coming down and fishing mortality rates are going up.

They tend to be a localized population with limited movement, so it might be hard to make a case as to what one state's fishery had to do with another one, but I think it might warrant a discussion. I don't know if it is at the Policy Board or where or whether the commission wanted to get involved or not as a managed set of species.

CHAIRMAN SIMPSON: Is there anything else on this motion? Is there any objection to the motion? **Seeing none; then we will consider it approved** and we will have something for the full commission to consider later this week. Toni.

MS. KERNS: We will get something together for the meeting tomorrow. The Policy Board is this afternoon and I am not sure we will get something together by then.

MR. AUGUSTINE: Mr. Chairman, mine is a larger question and it has to do with bait in general. I think the report and the direction we're going is an excellent approach to addressing a major issue, but a bigger problem is the fact that we have several states still on a moratorium with the horseshoe crab in terms of harvest.

The real question that has to be asked is what is the status of the stock? That is part of it. New York stock seems to be being pounded upon regularly. We had a quota of 365,000 crabs and cut back to 150,000 to be in line with what would be more appropriate. In the meantime because of interstate commerce and the demand for horseshoe crabs up and down the coast, particularly in the southern states, our populations are being beat up regularly. I notice on the agenda we have a notice that New Jersey's legislature is looking to remove their moratorium.

I guess the greater question is if we're having a bait shortage issue; is that the major reason we're getting the imports – and I know the answer is yes – so therefore why don't we look at the status of the stock; and if it is rebuilt in a condition in those states where

they could actually go back into an active fishery, why can't we address that?

I surely would like to get some comments from the states who do not have an active fishery anymore and see what they could offer up or is going to be on the technical committee to make us a presentation as to what the status of the stock is. Now, there are two or three questions all wrapped around that, but I sure would like to get some answers, Mr. Chairman, because I want to move that the moratoriums be all removed if the status of the stock is where we want it to be.

CHAIRMAN SIMPSON: I will check with staff just to confirm the timetable for any future assessments on horseshoe crabs.

MS. KERNS: Well, we can give you status of the stock at the May meeting when we do – compliance reports are due March 1st, and so through the FMP review we can give you status of the stock. I'm going to turn back to Pat to see if he has the next assessment. I don't know it off the top of my head. We believe the technical committee recommended an update in 2015 for the next assessment.

CHAIRMAN SIMPSON: So if we get a final word on this because we're sort of stepping outside the agenda right now, Pat, and I want to make sure we finish up on time because A.C.; you know, his views of my running a meeting are important to me and I don't want to disappoint him. Pete.

DISCUSSION OF NEW JERSEY MORATORIUM ON HORSESHOE CRABS

MR. PETER HIMCHAK: Mr. Augustine, that's a nice segue into the next agenda item. You will recall that the commission required a moratorium on horseshoe crabs in the states of New Jersey and Delaware in 2006 and 2007. Then an addendum allowed for a limited harvest in the 2008 season, and Stew Michels will correct me if I'm wrong on this.

Our Marine Fisheries Council in 2008 vetoed regulatory authority to maintain the moratorium. Subsequently, a bill was introduced into the legislature and enacted in May 2008 that established a moratorium on harvest and possession of horseshoe crabs in New Jersey with some rather severe penalties as a major deterrent. That is where we stand.

Now, a bill has been introduced in the state senate as of December 17, 2012, to repeal the current law that

enacted a moratorium. I understand a companion bill was also introduced into the Assembly. That is all I know on the particular bills that have been introduced. I don't know what the department's official position is on either bill.

There have been no committee meetings that I am aware of or any testimony on either bill. Now, when I was given the assignment to review the status of the New Jersey Moratorium on horseshoe crab harvest, that I see as a two-part assignment. Part A is the impact on New Jersey harvest is that we have none. Part B, the impact of the moratorium on harvest in other areas along the Atlantic Coast and now in Asia is up for discussion at this meeting. I guess that is something that you all have to answer. I can't comment further on the bills that have been introduced. I'm just giving you an update on where we stand.

CHAIRMAN SIMPSON: Are there any questions for Pete? Jim.

MR. GILMORE: Just some comments on I think where – well, it is an update more than anything else. I think I have brought up this issue before about – you know, and Pat already talked a little about the impact to our population and what is going on. We continue to have management headaches with this whole thing.

The cost of the crabs just keeps going higher and higher; and the more it goes up, the more poaching we're having and the more difficulty we're having managing the fishery. I think when that assessment comes out in 2015 I'm hoping to see that we didn't have a healthy population that is going downhill.

I am starting to worry that is the case just because of the amount of enforcement that we are doing, which is getting significant violators under this, and I think it is just the tip of the iceberg. This year, quite frankly, we lost it. We had put on the management approach we had been taking with trip limits; we had triggers built into it; and we were doing this all by a paper, and we lost it this year to the fact that we went over our voluntary reduced quota because it is just such a lucrative fishery now.

We really have the problem of the management under a moratorium which is in another state is having its impact and now you're throwing in the Asian crab import. We really need to start acting on both those issues. I have a motion when you're ready, Mr. Chairman. I move that the state of New Jersey consider alternate management strategies to a harvest moratorium, including pending legislation, due to the negative impacts to regional horseshoe crab populations and concerns with potential ecological and human health issues with the importation of non-native species.

MS. KERNS: Jim, if you have that written down and you could just bring it around, that would be helpful.

CHAIRMAN SIMPSON: I need a second to that motion? Bill McElroy will second it. Tom.

MR. THOMAS FOTE: I don't know what the purpose of this motion is. The management of horseshoe crabs is decided by the legislature of New Jersey right now. The Division really doesn't have any say in it. The state legislature would have to do it. According to all our management plans if a state wants to be more conservative, that is up to them by implementing a moratorium.

I'm looking at the purpose of this and the state cannot do alternative management. The legislation there are specific rules of what needs to be done and what the stock assessment needs to be before they can open up the fisheries. I'm having a difficult time with this motion because it is directing us to do something we can't do.

CHAIRMAN SIMPSON: I think it is understood that the commission can't compel you to open a fishery that you don't want to open. I might suggest when it is done that we consider tweaking the wording of this so that it might be that the commission is pointing out some issues that relate to New Jersey's moratorium and its impact on other states and fisheries. Tom, go ahead.

MR. FOTE: The followup to that is what I'm hearing here is we have an increase in the conch fishery and this is going to take over whether New Jersey opens up its commercial fishery on horseshoe crabs; that even if we had a fishery on that, there would be other states that need more horseshoe crabs for their conch fishery.

That is really the problem here because of the growing conch fishery where there is a greater need for horseshoe crabs. If there wasn't a greater need for horseshoe crabs, then it wouldn't be there. That is I think your real problem here. When we put the moratorium in New Jersey, it didn't affect other states until we started increasing the pursuit of conch because of the lack of lobsters. That is your problem and that is what you need to deal with and figure out how you're going to deal with that.

MR. THOMAS O'CONNELL: Maybe I have a question for Marin. I know a lot of time and effort and monies were spent in developing the Adaptive Resource Model and it included the shore birders, the conch fishermen, the horseshoe crab fishermen, and we agreed last year to base our decisions upon the results of that model. I just wanted to confirm in my memory is that there is an allocation that the ARM Model identified could be harvested in New Jersey and it goes unused because of the current moratorium; is that correct?

MS. HAWK: Yes; that is correct; there is 160,000 horseshoe crabs that could be harvested.

CHAIRMAN SIMPSON: We will take a quick break on this motion for Toni to clarify the planning horizon for assessments.

MS. KERNS: I have to correct myself. The benchmark is scheduled for 2016 and an update is scheduled for 2014.

MR. G. RITCHIE WHITE: I have a problem with this motion in that if a state wants to be more conservative in its application, it certainly has the right to do it. I think for this commission to say that a state can't be more conservative, I think we're getting into dangerous ground here. I guess I'm not going to support it.

MR. TRAVELSTEAD: Well, just to that point, I don't think we're saying that. Certainly every state we know has the right to be more conservative than the management plans. I assume if the motion passes it would be sent to New Jersey in the form of a letter perhaps where we could explain in more detail some of these issues that Jim has brought up and that Tom has mentioned with the ARM and just simply point out for New Jersey's consideration all the reasons why we think a moratorium is not necessary in that state and to address some of these other problems.

I don't think we're saying, New Jersey, you can't be more conservative. We're just suggesting that there are now other problems along the Atlantic Coast as a result partially of your moratorium that could be solved and apparently there is legislation to address that. I think that's all we're saying here.

CHAIRMAN SIMPSON: So, are you suggesting the tone of a letter, if we decide to send one, would be more informational than persuasive, say?

MR. TRAVELSTEAD: Well, a little of both, but I think we need more information than simply just

saying, New Jersey, here is a motion we passed. If we could have more explanation that, for instance, horseshoe crabs have been in short supply in Virginia in recent years and that is causing problems with poaching and things of that nature; and if New Jersey's supply were to be made available, perhaps that could be alleviated; the point that Tom O'Connell made, that we can safely manage this resource under the ARM; we don't need a moratorium. I think we've done that now for a couple of years.

CHAIRMAN SIMPSON: Are there other comments on this motion? Jaime, go ahead.

DR. GEIGER: Mr. Chairman, I'm concerned that the optics of this may have some significant unintended consequences down the road. This board has done a great job putting the ARM Model in place, looking at the appropriate funding, looking at industry, making the hard decisions necessary to conserve horseshoe crab populations and have really done an outstanding job moving towards conservation of this stock.

I guess that anything deviating from that agreed-upon procedure, the process we put in place with a whole diverse suite of stakeholders may be problematic, especially at this time and place. I would just urge a little caution on this one. Again, I must admit I don't clearly understand the real intent of this. I certainly think that I agree that if a state wants to be more conservative, they should by all means have the opportunity to do so. I do think there may be some unintended consequences here that we may want to think about.

MR. ROBERT H. BOYLES, JR.: Mr. Chairman, I agree with Dr. Geiger. I have heard several people say around the table we're not really clear why we're doing it; and the more we talk the less clear it is becoming to me. If I could ask a question of New Jersey delegates; what is the commission's interest here; what are we trying to accomplish? I apologize for my density but I could use some clarity.

CHAIRMAN SIMPSON: Are you asking New Jersey or New York, the maker of the motion?

MR. BOYLES: I am asking anybody who will help clarify it.

CHAIRMAN SIMPSON: Let's give New Jersey the first crack at it. Pete, could you help us?

MR. HIMCHAK: Dr. Geiger spoke about unintended consequences, and I think the letter that

may come out of this board addresses unintended consequences of a moratorium that was established as a conservation measure. I think that is what the board is trying to comment on.

MR. ADAM NOWALSKY: Mr. Chairman, if I could just add to that since the legislator that I represent has an interest in this issue. It would be my opinion that the most that this board could do at this point would be to offer support for that legislation and offering the technical advice as to what would support it; and then that would be within the power of the legislator to pass on and up through the process.

No advice that this board gives to the state DEP is going to change anything at this point. It has got be done through legislation; so if the motion is going to do something, that is what it would need to do; be in support of the legislation specifically and offering technical advice as well as the ramifications of why that legislation is supported by this board.

CHAIRMAN SIMPSON: Thanks, Adam; I think that helps a lot. Louis.

DR. DANIEL: Maybe the south is dense today, but is this recommendation appropriate based on stock status? I guess that is the question that I'm not clear on is can we harvest more horseshoe crabs? Is that cool? We're having the same problem in North Carolina and thanks to the great state of Georgia we do get some transfers. We're seeing the same thing down in North Carolina. Is it the technical committee's opinion that we can have an additional harvest of horseshoe crabs?

CHAIRMAN SIMPSON: Well, I think what I heard before was the ARM suggested there could be additional harvest; but central to this motion and whether it passes or not to me is to what extent should the commission be sending letters to states that are being more conservative than our plans require, encouraging them to be less conservative. It sounded like you were starting to move into an area of reallocation; if you're not going to catch it, let us. I think that would be a separate discussion.

DR. DANIEL: That is definitely not where I was headed.

CHAIRMAN SIMPSON: Okay, in that case I think we have had quite a bit of discussion on this. Tom, do you want the last word?

MR. FOTE: Yes; I think if Assemblyman Albano, who is the legislative appointee to the Atlantic States

Marine Fisheries Commission, wants that information, Adam can take that back, and we're willing to supply that information to the Atlantic States.

I don't think a letter is necessary because then you're basically imposing – I think the request should come from the assemblyman who says could you provide me information on why we're doing it and then we write a response to his letter. It takes the commission out of the purpose of writing a letter from the commission, and then it is more the commissioners are asking for a letter of why this should be done. I think it puts the commission in a better light.

CHAIRMAN SIMPSON: So you're suggesting an alternative to this motion could be there is one available and we could provide information to Adam and presumably write to the individual he represents

MR. FOTE: Right.

CHAIRMAN SIMPSON: – and that might be maybe a more tactful way to send a message?

MR. FOTE: I think so.

MR. NOWALSKY: I don't know what additional information you're going to provide. I can tell you I have sat in on meetings with the legislator I represent discussing this matter, presenting the information that says the current best scientific information we have says a model says New Jersey can harvest X number of crabs and we're choosing not to. I don't know what additional information we're going to provide at that point, but again the only thing you're going to do is support the legislation. That's the only place you can support it.

CHAIRMAN SIMPSON: Okay, I think at this point we will take a moment to caucus and we will vote this up or down. There is one more agenda item that Doug had and we will finish up with horseshoe crabs. Let's take a minute to caucus.

(Whereupon, a caucus was held.)

CHAIRMAN SIMPSON: Okay, it looks like everyone is ready. All those in favor please raise your hand. I see five in favor. Opposed, same sign. I see six opposed. Any abstentions; 3 abstentions. Any null votes; none. The motion fails five, six, three, zero. Pat.

MR. AUGUSTINE: It is really amazing, Mr. Chairman, that we go through this effort of assessing what the issues are and the problems are; and then when the time for vote it, we sweep them under the table. The issue still remains; we have a bait shortage problem. We have horseshoe crabs within the fishery that two ARMs say they should be available.

Whether a state chooses to harvest them or not is not the issue. It is having a negative impact upon another state who is a member of this commission. It is a horseshoe crab stock not segregated into little pieces; it is a stock. It is either overfished or underfished. It seems as though the actions of certain member states, whether it is good, bad or indifferent, have an effect on other states. It is all economics. What do we need to do in New York?

Do we go ahead and say, okay, we'll shut down the horseshoe crab fishery? They're selling for three and four dollars apiece. From I understand in the report in Commercial Fishermen, they're being bought for as much as five dollars apiece. We just shoveled another problem under the table. I would go back and like to reiterate what Mr. Travelstead said.

This could be an information letter that would indicate what the negative effect is upon other states and what is happening to the stock. In all fairness up and down the coast, we're just going to see a continued decline in our horseshoe crab population while others have the protection of a moratorium based on shorebird information that has been proven to be what it is. The real question is have the shorebird populations come back in the last five years? The answer is no, so I think we need to do something, Mr. Chairman, and I will make a motion to adjourn.

OTHER BUSINESS

CHAIRMAN SIMPSON: Okay, we have one more agenda item. If I recall the New York comments earlier, you're voluntarily reducing your quota in half, so you do have a little bit of latitude to do something on your own. I had Doug for the additional agenda item on a report on your fishery-independent survey.

MR. DOUGLAS GROUT: In the interest of transparency, I am having to, because of budget cuts, eliminate our horseshoe crab fisheries-independent survey beginning this year. We're not required to do it under the plan, but we have been doing it for eleven or twelve years. You will see that in our compliance report that we're no longer conducting it.

CHAIRMAN SIMPSON: Okay, is there anything else for the board today? Jaime.

DR. GEIGER: Just one quick comment; I have mentioned this before, but fish health issues between inter- and intra-state transfer and importation are becoming more and more important. At some point in time I think this commission would be well served to put a subcommittee together of fish health specialists from the states and the federal agencies.

This is going to be an issue that is going to continue to come up every time whether you transfer materials between and among states or import materials in and out of states. I think this commission needs to take a little more attention to fish health transfer protocols at some point in time. I honestly think the commission is the best place to look at this issue from a regional fisheries management perspective. Thank you, Mr. Chairman.

CHAIRMAN SIMPSON: Bob, is that something that we could maybe take up at the Policy Board?

EXECUTIVE DIRECTOR BEAL: Yes.

CHAIRMAN SIMPSON: Okay, so can just plan to do that?

EXECUTIVE DIRECTOR BEAL: Yes, we will add to the Policy Board under other business today and see how far folks want to take it today, if maybe it is a larger discussion at a future meeting.

ADJOURNMENT

CHAIRMAN SIMPSON: If there is nothing else for the Horseshoe Crab Board, Pat, you can adjourn us.

MR. AUGUSTINE: Move to adjourn, Mr. Chairman.

(Whereupon, the meeting was adjourned at 12:00 o'clock noon, February 20, 2013.)